

# **In The Matter Of:**

*Public Hearing Re: 231 Broad Micro Apartments v.*

---

*September 21, 2020*

---

*Martin Deposition Solutions, Inc.  
Malta Commons Business Park  
100 Saratoga Village Boulevard  
Building 37  
Malta, NY 12020*

To open files, click on the desired file type in bookmark on left.

For quick saving or searching multiple files, click attachments tab (or paperclip) on left.

For best viewing/searching, use Adobe Reader/Acrobat ver. 9 or higher  
([www.adobe.com](http://www.adobe.com)).